

**TRANSCRIPT OF PROCEEDINGS**

---

**AUSTRALIAN ENERGY REGULATOR**

**RATE OF RETURN INSTRUMENTS  
CONCURRENT EVIDENCE SESSION 1 of 4**

**MATTERS DISCUSSED:**

**AER'S Industry Debt Index (EICSI), and  
Equity Beta**

**FACILITATOR:**

**Ms Anna Brakey (Commissioner, ACCC)**

**AER STAFF PRESENT:**

**Mr Warwick Anderson (GM Network Pricing, AER)  
Mr Jim Cox (Board Member, AER)  
Mr Eric Groom (Board Member, AER)  
Ms Catriona Lowe (Board Member, AER)  
Mr Justin Oliver (Board Member, AER)  
Ms Clare Savage (Board Member, AER)  
Mr Esmond Smith (Senior Financial Advisor, AER)  
Mr Jonathan Seymour (Assistant Director, AER)**

**EXPERTS PRESENT:**

**Dr Glenn Boyle  
Dr Tom Hird  
Mr Jim Hancock  
Mr Dinesh Kumareswaran  
Dr Martin Lally**

**RECORDED VIA VIDEOCONFERENCE**

**THURSDAY, 10 FEBRUARY 2022 AT 9.30AM**

1 MS BRAKEY: Welcome everybody to the first session of  
2 the 2022 Rate of Return Instruments Concurrent  
3 Evidence Sessions, and welcome to all of those  
4 participating, the board members and the experts,  
5 and to all of those people who are observing as  
6 well.

7 I am Anna Brakey. I am one of the  
8 commissioners at the ACCC and I am facilitating  
9 this discussion. I am not participating in it; I  
10 am just the facilitator.

11 But first, I would like to acknowledge the  
12 traditional owners of country throughout  
13 Australia and recognise their continuing  
14 connection to land, water and community. We pay  
15 our respects to them and their cultures, elders  
16 past present and emerging, and finally I extend  
17 that respect to other Aboriginal and Torres  
18 Strait Islander people who are presenting today.

19 The purpose of this session is to assist the  
20 AER board to decide on the 2022 rate of return  
21 instrument by hearing the views of experts and  
22 having discussion with those experts, and  
23 listening to the discussion between experts as  
24 well.

25 We have all the AER board members  
26 participating in this session. I'm not sure,  
27 Clare, do you want to do a little intro or  
28 introduce the board members?

1 MS SAVAGE: Sure, yes. I'm Clare Savage. I'm the  
2 Chair of the Australian Energy Regulator. We  
3 have Jim Cox, who probably would be well-known to  
4 most of who with us, who is our deputy chair;  
5 Catriona Lowe; Justin Oliver and Eric Groom who  
6 are my board colleagues all here today.

7 MS BRAKEY: In December this year, the AER will  
8 publish the next rate of return instrument. This  
9 binding instrument will determine the allowed  
10 rate of return on capital in both electricity and  
11 gas networks for the following four-year period.

12 The rate of return is a significant driver  
13 of regulated revenue. Estimating the rate of  
14 return is complex and contentious. These  
15 sessions are a key element of the AER board's  
16 decision-making process in making the instrument.

17 If you want more information about these  
18 expert sessions, I recommend you go to the AER's  
19 website where it has published a series of papers  
20 on the process it is undertaking to develop the  
21 2022 rate of return instrument. This will also  
22 provide details on how to further engage in the  
23 process, such as through submissions which are  
24 open at the moment and due to close on 11 March  
25 in advance of the AER making its draft instrument  
26 in June.

27 For those guests listening in to the  
28 session, that is the primary means for you to

1 engage in that process, through submissions. So  
2 you can raise any issues that are discussed today  
3 or in fact any other aspect of rate of return in  
4 your submissions.

5 For today, this morning's session will focus  
6 on the use of the AER's industry debt index and  
7 the assessment of equity beta for use in  
8 determining the return on equity. The experts  
9 that will be discussing the issue today with the  
10 board are Dinesh Kumareswaran, Tom Hird, Glenn  
11 Boyle, Martin Lally and Jim Hancock.

12 A reminder to experts that you have been  
13 asked here to assist the AER to make a  
14 responsible decision on the 2022 instrument. For  
15 these sessions, the AER would like to reinforce  
16 its expectations that your obligation is to  
17 assist the AER board in its decision-making  
18 process, and you should present the analysis and  
19 evidence that will assist the board in making  
20 that decision rather than positioning yourself in  
21 the role of the decision maker.

22 Given the scarcity of time in these  
23 sessions, the board has attempted to prioritise  
24 the issues and questions for discussion in the  
25 sessions, and the prioritisation was set out in  
26 the detailed agenda. As such, we are going to  
27 start the first session with a short presentation  
28 from Tom Hird and Martin Lally with their

1 thoughts on the AER's use of the index, after  
2 which we will call for other points that are  
3 genuinely additive from the other experts. We  
4 will then spend an hour discussing the index,  
5 focusing on the three main questions that are set  
6 out in the agenda, which are: The role that the  
7 index should take in the rate of return  
8 instrument; whether any outperformance on the  
9 return of debt requires an adjustment to the  
10 return on debt; and should the index be used to  
11 inform the term for the return on debt, and if  
12 so, how?

13 We will then move onto the issue of equity  
14 beta. We will also start out with short  
15 presentations by Glenn Boyle and Dinesh  
16 Kumareswaran, followed by any additional points  
17 by other experts.

18 The general discussion for equity beta is  
19 also scheduled to take about an hour and will  
20 focus on two main issues for the 2022 instrument:  
21 Should the AER continue to use the longest  
22 available estimates of beta for its comparator  
23 firms to set the equity beta; and if the AER  
24 moves to a five-year estimate of the return on  
25 equity, does this have implications for the  
26 period over which it measures beta? And should  
27 the AER place more reliance on the estimates of  
28 beta over the last five years?

1           We'll aim to finish this morning's session  
2           by about midday Sydney time, so unless any of the  
3           board members want to add anything now? I don't  
4           see anyone jumping up and down. I'll ask Tom  
5           Hird to start off with his views on the index,  
6           please.

7       DR HIRD:    Thanks, Anna. I had a presentation which  
8           I think someone was going to bring up on the  
9           screen?

10       MR SEYMOUR:   I think our preference was just to have  
11           people look on. I think all the board members  
12           should have all the details in front of them and  
13           we've sent it round to everyone who is  
14           registered through the event. So I think to  
15           avoid any technical issues, we'll just keep it in  
16           front of us.

17       DR HIRD:    That's fine.

18       MS SAVAGE:   It helps up to read your face as well and  
19           the other experts' faces as you go.

20       DR HIRD:    Okay. Well, not if you're looking at my  
21           slides, it doesn't.

22       MS SAVAGE:   We can do both. We're multitaskers at  
23           the best of times.

24       DR HIRD:    I'm now talking to slide 2. Essentially,  
25           the point, a really important point is that  
26           before we start thinking about how the EICSI  
27           should be used, we have to define what the AER is  
28           trying to do.

1           In my view, there are two decisions the AER  
2 has to take. The first decision - and this is in  
3 relation to coming up with a sort of process for  
4 compensating for the cost of debt - the first  
5 decision the AER has to take is what is the  
6 benchmark efficient debt management strategy?

7           Having made that decision, the next decision  
8 is, how can the AER most accurately compensate  
9 for the cost of following that debt management  
10 strategy? So those are the two decisions. And  
11 I think that just to sort of give that some, you  
12 know, that's sort of conceptual but in practice  
13 to describe what the 2018 RoRI found was that the  
14 benchmark debt management strategy was the  
15 staggered issuance of 10-year debt, giving rise  
16 to a trailing average cost of debt and an  
17 assumption of 60 per cent gearing.

18           That was the 2018 RoRI answer to decision  
19 one, "What is the benchmark strategy?" The 2018  
20 RoRI answer to question 2, which is how best to  
21 compensate for the cost of following that  
22 strategy, was a change in method. Previously,  
23 the AER was assuming that a broad BBB estimate of  
24 the cost of debt would be appropriate, would  
25 reflect the cost that NSPs incurred in following  
26 that strategy. In 2018, RoRI and the AER used  
27 NSP debt data - essentially a version of the  
28 EICSI, if you like - to determine that actually

1 that target credit rating should be raised from  
2 BBB to BBB+, and that would accurately compensate  
3 for the costs of the benchmark strategy.

4 That is the framework that I say is  
5 appropriate, or that the decisions that the AER  
6 should be trying to make.

7 If I move on now, talking to the third  
8 slide, where I'm describing the use of the EICSI  
9 or NSP debt data, I've got a nice table there  
10 which just says the two things you can use that  
11 data for is to inform decision one and to inform  
12 decision two. So long as you are using the data  
13 to inform those two things, then it is an  
14 entirely valid use of that data.

15 I've got a little cross against a use of the  
16 EICSI which I don't regard as valid. That is, I  
17 say, it would be invalid to attempt to target  
18 compensation to a particular construction of the  
19 EICSI. That is, if the debt management strategy  
20 underpinning the EICSI construction is different  
21 to the benchmark debt management strategy.

22 Essentially, I'm really trying to draw home  
23 here is that you can use the industry debt  
24 management data validly to determine a benchmark  
25 and then to determine the costs of implementing  
26 that benchmark, but that's the process through  
27 which the NSP data is to be used. And the reason  
28 I say it's invalid to instead determine a



1 benchmark and then target compensation to a  
2 construction of the EICSI that may not be the  
3 same as that benchmark is to illustrate with an  
4 extreme hypothetical example.

5 Imagine the average industry practice  
6 changed and it turned out to be that NSPs issued  
7 just one-year debt. So they are refinancing  
8 100 per cent of their debt every year. In that  
9 case, there may well be, would likely be a large  
10 gap between the compensation paid to NSPs based  
11 on a 10-year tenor and that the measure of  
12 industry average costs such as the EICSI would  
13 reflect a one-year tenor.

14 So let's imagine there's this large gap and  
15 something has to be done. So I say in that  
16 situation, it would be entirely valid for the AER  
17 to look at that data and say, "Well, it's  
18 appropriate to reduce the benchmark tenor and in  
19 doing so reduce the gap between the compensation  
20 that's being provided and the estimate of the  
21 industry average costs."

22 But it would be invalid to retain a 10-year  
23 benchmark assumption and reduce the cost by just  
24 reducing the estimated yield on those 10-year  
25 debts so that they matched essentially the cost  
26 of a one-year instrument. That approach would  
27 involve essentially populating a 10-year trailing  
28 average with the cost of one-year instruments.

1 It would create an unhedgeable allowance, an  
2 inconsistent allocation of risks, and it would  
3 essentially ensure that compensation would never  
4 match efficient costs because you would be paying  
5 people the average of 10 years' worth of one-year  
6 debts when the evidence was that they were  
7 raising all of their debt rolling it all over in  
8 one year. So you have this trailing average that  
9 just didn't match the evidence of what businesses  
10 were actually doing.

11 That's the hypothetical. You know, that's  
12 the extreme example I've tried to use to explain  
13 why using the industry debt data to inform a  
14 benchmark and then to cost the benchmark avoids  
15 that internal inconsistency problem of allowing  
16 or targeting some measure of cost that is not  
17 consistent with the benchmark that you've set.

18 Now I think I'm just going to talk to  
19 slide 5 of my pack, where essentially I'm saying  
20 that I think actually the AER has historically  
21 performed a valid use; they have validly used NSP  
22 debt data to inform both those two decisions.  
23 And I think there was, you know, leading up to  
24 the most recent paper, the November 2021 final  
25 working paper, I was concerned that the AER was  
26 going down a path of invalidly using the EICSI,  
27 that is, to target compensation to the EICSI.

28 But it seems to me that the most recent

1 preliminary position is actually a valid use,  
2 which is to ask the question, we're using a BBB+  
3 benchmark, and we are asking the question, "Do  
4 NSP, when adjusted for tenor and credit rating,  
5 do they issue debts at a cost that's similar to  
6 our assumed benchmark?" I think that's the right  
7 question.

8 I think there are other ways that the AER  
9 has gone about attempting to answer that  
10 question. But the way that the AER has attempted  
11 to answer that question is valid. I think there  
12 is not a lot of controversy at the moment in  
13 terms of where the AER is heading and where  
14 I think it should head.

15 Moving on to slide 6, that's a sort of  
16 conceptual overview of what I think should be  
17 done. And just moving on to what the actual  
18 industry debt data is pointing to, the AER says  
19 that the industry debt data suggests that there  
20 has been, when adjusted for tenor and credit  
21 rating, a small outperformance of 4 basis points  
22 over the last seven and a half years.

23 My own estimates, I think using a different  
24 method but similar, result in actually a modest  
25 underperformance of 1 basis point over the last  
26 seven years and 3 basis points outperformance  
27 over six years and negative outperformance over  
28 one to four years. So it's a bit of a mix.

1           One point that I would note in terms of my  
2           own analysis is that the AER's measure of  
3           outperformance is not value-weighted and the AER  
4           does not present value-weighted estimates. I  
5           think the AER should present them. Even if the  
6           AER thinks that they are less relevant for  
7           whatever reason, I think presenting the  
8           information in that way would be useful. When I  
9           estimate a value weighted measure of this sort of  
10          tenor and credit rating matched out  
11          performance --

12       MS BRAKEY: Tom, could you make sure you go through  
13          quickly? You've used up about 10 minutes  
14          already.

15       DR HIRD: So there is always negative (indistinct).

16                The next question is, "Well, what is the  
17                benchmark strategy?" And the AER and I again  
18                reach similar answers looking at the data: The  
19                average WATMI is around 10 years. So the  
20                ultimate or final conclusion from that is what  
21                should the AER do with that NSP debt data? And  
22                it seems to me that that data is consistent with  
23                the AER having its settings currently  
24                appropriate.

25       MS BRAKEY: Thanks, Tom. Now we're off to Martin.

26       DR LALLY: Thanks, Anna. I have circulated a note.  
27          I trust people have that.

28                Looking at the first question that was

1 posed, what the role of the EICSI might be in the  
2 rate of return instrument, in preparing a paper  
3 for the AER in April last year, I suggested that  
4 the AER should decompose the difference between  
5 the EICSI estimate and the estimate it was  
6 obtaining from its current methodology into the  
7 three components term, credit rating and the  
8 rest, which is the so-called outperformance. And  
9 it seems that the AER has taken up that  
10 suggestion and done that, and the figure here  
11 that is referred to of 4 basis points is after  
12 matching the EICSI and the existing indexes on  
13 both credit rating and term.

14 The interesting question then is what do you  
15 do with this analysis? In so far as there is a  
16 difference, it's only 4 basis points after  
17 correcting for term and credit rating. If it  
18 were more substantial - and of course that  
19 possibility will arise as we move forward in the  
20 future. The EICSI may diverge from the results  
21 you are getting from the standard with the  
22 current methodology after correcting for term and  
23 credit rating for matching them. If there is a  
24 substantial difference, you'd want to try and  
25 understand why that's happened. Maybe there's an  
26 error somewhere, maybe there's an outlier that  
27 needs removing.

28 In addition, it may be the difference is

1 being driven by differences in the way that the  
2 EICSI and the current methodology are being  
3 constructed. An example of that was referred to  
4 by Tom: Value-weighting. Well, the EICSI isn't  
5 value-weighted whereas the RBA is value-weighted,  
6 Bloomberg is and Reuters are partly value  
7 weighted in the sense of removing small bonds.

8 So I think before you attach any  
9 significance to the 4 basis point figure or  
10 whatever it is, it's not just enough to match on  
11 term and credit rating. You've also got to match  
12 on methodology. And at the moment, it does not  
13 seem that that methodology's been matched on.  
14 I think that is important to do that.

15 After you've matched on methodology, well,  
16 what happens then? The EICSI data might be used  
17 to revise your values for term and credit rating.  
18 It looks as if credit rating they match on; it  
19 looks like they don't match on term. They did  
20 back in 2018 at 10 years, but it looks like the  
21 EICSI is now giving you lower values. That is an  
22 indicator that maybe you want to adjust your term  
23 down from 10 years to eight years, but you would  
24 need enough data to be confident of that.

25 As far as the outperformance is concerned, I  
26 stress outperformance is only interesting after  
27 you've cleaned up any differences in the  
28 methodology, which hasn't happened yet. If it's

1 not statistically significant or economically  
2 significant, I think we should just ignore it.  
3 4 basis points, at the moment I don't know  
4 whether it's statistically significant, but it is  
5 not economically significant so I think you could  
6 ignore that.

7 But if you do get to a point, after matching  
8 on term and credit rating and cleaning up any  
9 differences in methodology, that you are getting  
10 an outperformance that is statistically  
11 significant and economically significant then it  
12 raises the question of whether you are going to  
13 adjust your existing numbers.

14 And that requires that you be pretty  
15 confident about the EICSI methodology. And  
16 confidence would require not just that  
17 methodology matches with RBA, et cetera, but the  
18 sample size has got to be large enough. I don't  
19 know how big the sample size is, but because  
20 you're using primary market debt, I suspect it's  
21 pretty small. It may be that if you can't get a  
22 sample size that is very large then the most that  
23 you will get out of this EICSI data is to give  
24 some indication to you about some possible  
25 problems: Is there something wrong with data, is  
26 there an error, or is there something that needs  
27 cleaning up? So, that's not a recommendation,  
28 but that's just some thoughts about what the AER

1           might do with this.

2           The other questions that are asked, the  
3           implications of outperformance and the use of the  
4           EICSI for estimating the term, I've covered them,  
5           I think, in answering the first question.

6   MS BRAKEY:   Thanks, Martin. I'll first invite any  
7           questions from the board members. And sorry, I  
8           should have said earlier if any of the  
9           participants - the board members or the experts -  
10          want to say something, if you could just use the  
11          "raise hand" function and it helps me to direct  
12          traffic. Clare, straight to you.

13   MS SAVAGE:   Thanks, Anna. Martin, I was just  
14          wondering whether or not would might wish to  
15          reflect on the extreme hypothetical that Tom  
16          presented and just talk us through how your  
17          analysis might apply to that?

18   DR LALLY:    The extreme hypothetical being what?

19   MS SAVAGE:    I think Tom was setting out a suggestion  
20          that you might have a situation where network  
21          businesses all began financing over one year and  
22          we obviously still have a 10-year benchmark. I  
23          was just wondering in that case how your analysis  
24          would apply?

25   DR LALLY:    Yes, well, if the EICSI data indicates  
26          that they are all moving to one year that of  
27          course would be surprising. But it is just a  
28          hypothetical example. It might be a bit more



1 realistic if we said they were moving to six  
2 years.

3 That would be an indicator to you that you  
4 should reconsider in your current methodology  
5 using 10-year term and moving to six-year. We  
6 are currently somewhat in that position. The  
7 EICSI data is indicating at the current time that  
8 the average term is about eight years rather than  
9 10.

10 But bear in mind my comment that the EICSI  
11 data is useful in this respect to the extent that  
12 the sample size is large enough. If you are only  
13 getting two observations per year, whereas from  
14 the existing methodology you're getting a far  
15 larger number of observations then that's not  
16 terribly useful. And I don't know what the  
17 sample size it. So the bottom line is that if  
18 the data is large enough and the EICSI data is  
19 indicating that they are moving to six years then  
20 you've got to think seriously about moving to  
21 six years as your benchmark.

22 MS SAVAGE: Just as a follow-up comment, one of the  
23 difference is obviously that you can make an  
24 adjustment for term, but one of the things that  
25 we've done in looking at decomposing the impact  
26 of the EICSI in terms of the term and raising and  
27 residual, as you suggested in your work, is that  
28 we are seeing that there is this sort of

1 residual, and the team are suggesting that that  
2 is linked to, in some way I guess, the difference  
3 between the primary and secondary markets.

4 Keeping in mind Tom's commentary, you're  
5 saying as long as you've got sufficient sample  
6 observations in the EICSI, you can have some  
7 confidence that there may be something that's  
8 been picked up, I guess, in the primary market  
9 that is not being accounted for in the secondary  
10 market?

11 DR LALLY: Yes.

12 MS BRAKEY: Tom, I noticed you put your hand up as  
13 Martin was talking. Did you want to engage on  
14 something he said in particular?

15 DR HIRD: I just thought it was useful for me to give  
16 my observation and perhaps Martin can observe  
17 that. I think we are aligned essentially,  
18 conceptually. I mean, there may be some  
19 implementation questions and some interpretations  
20 of the data, but I think conceptually we share a  
21 common view, which is that that if your NSP  
22 measured costs are different from your estimate  
23 of benchmark costs, there are two ways. That  
24 could be because some NSPs differ from the  
25 benchmark, in which case you might want to think  
26 about changing the benchmark. But you might not,  
27 because inevitably there will be different  
28 strategies amongst NSPs and you are never going

1 to - no individual NSP is going to have the  
2 average strategy.

3 But I just wanted to say that I think it's  
4 the case that Martin and I really share a view.  
5 And Martin's description of the methodologies is  
6 consistent with what I would describe as a valid  
7 approach to dealing with that.

8 MS BRAKEY: Thanks, Tom. It's great when we have  
9 agreement, so we'll cash in wherever we agree.  
10 Jim?

11 MR COX: No, thank you, Anna. I did notice perhaps  
12 slightly diverging views on term from Tom and  
13 Martin. Maybe it's not so significant because  
14 you have concerns about the methodology, but is  
15 there a different view on what the data are  
16 showing and how should we think about that?

17 DR HIRD: I think Martin's view is based on what the  
18 AER has published, which says a range of from  
19 eight to 10 to 11 years for the estimate of the  
20 weighted average term instruments or WATMI.

21 Martin said eight, but I think that  
22 somewhere in between those is the AER's view and  
23 the AER is not yet sure where exactly between  
24 those it is. My view, looking at the data, is  
25 that the most recent estimate is 9.5 years.

26 I think that is including all APA debt  
27 issuance and I think the AER has indicated that  
28 they may not be including all. But my view is

1           that it's pretty close to 10 years, the average.  
2           And certainly there are a number of NSPs that are  
3           above that in 10 years.

4   MS BRAKEY:    Martin?

5   DR LALLY:     Clearly, Tom has done some more detailed  
6           analysis on this. I am reliant entirely on the  
7           omnibus paper, the December 2021 paper which  
8           showed that the EICSI back in 2018 was at  
9           10 years and has come down to eight. So Tom's  
10          more detailed analysis, I can't comment on that  
11          as I haven't seen it.

12   MS BRAKEY:    I was wondering if any of the other  
13           experts wanted to weigh in? Glenn?

14   DR BOYLE:     My only comment is that before worrying  
15           about how the EICSI might be used, we need to  
16           know whether this outperformance really exists.  
17           This is an issue that I think both Tom - although  
18           he got slightly cut off - and Martin certainly  
19           touched upon.

20                    The average outperformance is 18 basis  
21           points, down 4 apparently after somehow  
22           controlling for term and credit rating. But we  
23           are not told the standard errors of these  
24           estimates, and given that the observations are  
25           overlapping, I suspect that it's not just sigma  
26           divided by root N we're talking about here.  
27           These standard errors could be quite high.

28                    And so, I'm suspicious that 18, four,

1           whatever it is, it is probably not statistically  
2           significant. In fact, Tom's own alternative  
3           effort to tease, to make this outperformance,  
4           actually comes in with a negative point estimate.  
5           At that point, it is clearly not going to  
6           positive.

7                     Initially, I think that is an essential  
8           first step. And until that is answered, it's  
9           pretty much - well, it's putting the horse before  
10          the cart, worrying about how you might use the  
11          data.

12       MS BRAKEY:    Thanks, Glenn. Dinesh?

13       DR LALLY:     Cart before horse, I think, Glenn.

14       DR BOYLE:     Not with my horses.

15       MR KUMARESWARAN: I would just agree with what Glenn  
16          has said. And I would also agree with the  
17          conceptual framework that Tom and Martin have set  
18          out, that the EICSI data is probably most useful  
19          to the AER to inform what the benchmark should  
20          be, but the AER should be very cautious to  
21          understand what it's seeing in the data before  
22          acting to make adjustments to the allowances.

23                     I circulated a slide that had some thoughts  
24          on it, and this might be useful to sort of  
25          demonstrate a couple of the points that we have  
26          just been talking about this morning.

27                     In the final omnibus paper, the AER raised a  
28          particular concern about outperformance when the

1 benchmark spread exceeds 170 basis points. And  
2 there's a figure 10, which is in the final paper,  
3 which sort of demonstrates what the AER's concern  
4 is. On the y axis, we have the spread from the  
5 EICSI. And on the X axis, we have the benchmark  
6 spread. And you have a scatter plot there and a  
7 45 degree line. So any of the points on the  
8 right-hand side of the 45 degree line indicate  
9 some outperformance, and points on the left-hand  
10 side indicate some underperformance.

11 And so, there isn't really enough detail in  
12 the omnibus paper to understand whether the  
13 4 basis points of outperformance relates to all  
14 of the observations, but that's my assumption.

15 Now, if we are particularly concerned about  
16 the outperformance in excess of 170 basis points  
17 for the benchmark spread, what about the  
18 observations below 170 basis points? I haven't  
19 seen the raw data so I can only apply the eyeball  
20 test, but it looks to me like below 170 basis  
21 points there's some fairly material  
22 underperformance.

23 So if the AER thinks about using the EICSI  
24 data to make adjustments, does that apply that in  
25 situations where the benchmark spread is lower  
26 than 170 basis points, the allowance should be  
27 adjusted up? I don't think the AER should go  
28 down that route unless it's really confident that

1 it understands what the data is actually showing.

2 Martin also made a point that we have to  
3 understand the statistical significance of what  
4 we are saying. In fact, Glenn just made the same  
5 point. This goes to the number of observations.  
6 That region on the right-hand side of the chart  
7 where the benchmark spread exceeds 170 basis  
8 points, these are fairly unusual market  
9 conditions. These are things like pandemics,  
10 financial crises and things like that where the  
11 market is doing something a little bit strange.

12 And so, in those market conditions, are we  
13 confident that NSPs are issuing debt in the same  
14 way as they would when market conditions are more  
15 normal? My understanding from talking to people  
16 who work in these businesses is that in those  
17 unusual market conditions, businesses will defer  
18 some debt raising. These are very unusual. They  
19 don't happen very frequently, but they might  
20 defer some debt raising and only issue the debt  
21 that they absolutely have to refinance.

22 So in those observations on the right-hand  
23 side that the AER is concerned about, how many  
24 issuances are we actually talking about here?  
25 Are these a very small number of issuances, in  
26 which case is that outperformance really  
27 statistically significant?

28 MS BRAKEY: Thanks, Dinesh. James?

1 MR HANCOCK: Look, I had a question regarding the  
2 treatment of credit risk. Now, both the EICSI  
3 and the existing methods are trying to determine  
4 a credit risk premium. But if we think about the  
5 treatment of equity, we look at the expected  
6 return on equity. And if we have a similar  
7 treatment on debt then we look at the expected  
8 return on debt.

9 And so, we have a credit risk premium on  
10 debt, but we also have a default probability on  
11 debt that presumably offsets that credit risk  
12 premium. And so, if we are interested in looking  
13 at an expected return on debt then I think we  
14 need to allow for that.

15 One way of doing it is actually not having a  
16 credit risk premium and simply having something  
17 like a risk free rate. And if we are not looking  
18 at an expected return on debt, but are purely  
19 focussed on what are essentially the contractual  
20 terms on which debt is issued, why aren't we  
21 interested in the expected return on debt and why  
22 are we focusing on contracted returns with no  
23 allowance for default?

24 MS BRAKEY: Thanks, James. I guess I'll take that as  
25 a comment rather than a question at this stage,  
26 and perhaps the staff can come on a little bit  
27 later. Tom, did you want to add anything?

28 DR HIRD: I just wanted to make an observation on the



1 topic that Dinesh was raising, which I think was  
2 sort of in the spirit of the point he was making.

3 That chart which shows all or - well, not  
4 necessarily all, but the net outperformance  
5 occurring in periods where there were credit  
6 spreads, if I am understanding the AER analysis  
7 correctly, you can sort of see where those dots  
8 come from in the previous figure, which is  
9 figure 9.

10 So if I'm understanding the way this has  
11 been put together correctly, all of those  
12 observations are from essentially early 2015 to  
13 some point in 2016, so they are quite old. So  
14 they are from a specific period in time and  
15 that's quite a long time ago, and I think that  
16 would also be relevant to the question of how -  
17 I think it would be that makes those observations  
18 less reliable of a general trend than if we had  
19 just seen that 20 times in 20 different market  
20 circumstances. We have seen it happen once,  
21 essentially, in one set of market circumstances.

22 MS BRAKEY: I just wonder whether either Warwick or  
23 Esmond could kind of answer this question about  
24 the data.

25 MR SMITH: Sorry, Anna, it is Esmond here. In terms  
26 of what specifically? Obviously, Tom talked  
27 about the observations through time, and that's  
28 right. We are talking about - you know, I guess

1           that particular period, and there is only one  
2           period where we observed quite material  
3           outperformance. And so that flows into the  
4           average, that 4 basis point average  
5           outperformance.

6           I guess it's a matter of whether that is  
7           sufficient data to conclude that you should make  
8           an adjustment and whether you think that's going  
9           to happen through time in ongoing periods of  
10          disruption, I guess, which is what you're saying,  
11          isn't it, Tom?

12       DR HIRD:     Correct.

13       MR SMITH:    Was that the data question you were asking  
14          me about?

15       MS BRAKEY:   Yeah, does that answer your question as  
16          well, Dinesh, from earlier?

17       MR KUMARESWARAN: I'm not sure that I followed  
18          Esmond's point. Can you just make that point  
19          again, please, Esmond?

20       MR SMITH:    All I was saying is obviously we have a  
21          limited number of observations through time where  
22          our allowance has varied from the EICSI and there  
23          has been what appears to be material  
24          outperformance in periods, particularly in '15  
25          and '16 where I think there were disruptions in  
26          the debt market due to what was happening in  
27          Europe that flowed through to here.

28          Ultimately it's a question of whether you

1 have enough data to conclude an adjustment is  
2 warranted for those periods. And Tom is saying  
3 whether they are going to occur again; that's a  
4 judgement call as to whether you think this is an  
5 ongoing cyclic thing that is going to occur that  
6 warrants an adjustment, whether it is material  
7 enough and so on. Is that clear enough, Dinesh?

8 MR KUMARESWARAN: Yes. I think those are relevant  
9 considerations. If we are talking about a  
10 temporary market disruption, should that be used  
11 firstly to inform what the benchmark should be?  
12 I would say no to that.

13 And if it's unlikely that those  
14 circumstances will arise again, I mean, if this  
15 is not indicative of an ongoing pattern of  
16 financing by the businesses, I'm not sure that  
17 there's a strong case to make an adjustment to  
18 the allowance either. And that's in both  
19 directions. So I'm talking about outperformance  
20 and underperformance here, it should apply  
21 symmetrically.

22 MS BRAKEY: Thanks, Dinesh. Eric?

23 MR GROOM: I guess my question is, to what extent is  
24 the efficient financing strategy constant through  
25 time? I think Tom characterised the question  
26 we've got as the first question is we need to  
27 come up with a benchmark cost of debt, and Tom  
28 has said there are two questions we answer there:

1 "What is an efficient strategy?", and, "How do we  
2 estimate an efficient strategy?"

3 What is coming through the WATMI data is  
4 that tenor varies through time and perhaps it is  
5 partly responsive to credit spreads. And so the  
6 difference, if you like, between the EICSI and  
7 the benchmark may come through because the EICSI  
8 is responsive to those dynamic changes in  
9 strategy or because they can borrow at a  
10 different cost to the benchmark cost of debt for  
11 a 10-year term.

12 We seem to be focused on the latter  
13 question, but I guess I would appreciate  
14 responses to the first question. Is the EICSI  
15 partly reflecting the impact of dynamic financing  
16 strategies that are responsive to market  
17 conditions, risk appetite of the businesses or  
18 their own financing requirements? And if so,  
19 what should we do about that?

20 DR HIRD: I'll respond. I think the EICSI - and we  
21 talk about "the EICSI", but I think there are  
22 many EICSIIs depending on how we weight and what  
23 we include and what we exclude. But it will be  
24 measuring all changes in the debt management  
25 approaches. It will be measuring random changes,  
26 privatisations and, you know, just changes in  
27 policies that are not responsive to our market  
28 conditions. It will also be measuring any

1 responses to changes in the market conditions.

2 So I think yes, in part it will be  
3 reflecting some dynamic responses to market  
4 conditions. And I think if we could be confident  
5 enough to describe how the efficient benchmark  
6 strategy responds to changing market conditions,  
7 then we could write that into the benchmark  
8 strategy.

9 If we could and if were confident of how to  
10 do that, we could say, "Well, credit spreads are  
11 above 170. Debts issued this year are now  
12 seven years, not 10 years." That sort of  
13 approach.

14 But I think it's incredibly important that  
15 the regulator disciplines itself to answer that  
16 question. And if it's confident that it can  
17 answer that question, then change the benchmark  
18 or have a more sophisticated benchmark. If  
19 that's the only way that it can internally  
20 consistently deal with the issue that you are  
21 speculating about, Eric, I don't see any way that  
22 it can target the EICSI and leaving - you know,  
23 which it is targeting because it is saying, "We  
24 think firms are following a different strategy to  
25 the benchmark," while still leaving the benchmark  
26 there unchanged, because as soon as you do that,  
27 you are saying, "We are targeting compensation to  
28 a strategy that is different to the benchmark,

1 but we are populating the benchmark 10-year  
2 trailing average with this other estimate that is  
3 not consistent with it."

4 That is my key conceptual point, that unless  
5 you are using the NSP data to either change the  
6 benchmark or change the compensation targeted at  
7 the benchmark, you end up with internal  
8 inconsistency in your regulatory regime.

9 MS BRAKEY: Thanks, Tom. Did any other expert want  
10 to specifically address Eric's question?

11 DR LALLY: Yes, if I could. Because the EICSI is an  
12 index constructed from new issues, it may be that  
13 the reason why the EICSI has gone down from an  
14 average of 10 years to eight years since 2018 is  
15 because in recent times the firms that have  
16 issued debt, they are firms that have always  
17 tended to issue debt shorter than 10 years and  
18 they are just overly represented in the recent  
19 data.

20 That is why when you see the term on the  
21 EICSI going down from 10 to eight, it could just  
22 be an artefact of that. So you would want to  
23 have a longer period in which it's materially  
24 below 10 years before you would take any notice  
25 that of.

26 Now, that property of EICSI, that its  
27 current value is influenced by just whichever  
28 kinds of firms have recently issued debt, you

1 don't get that with the conventional indexes.  
2 They are secondary market stuff, and the data you  
3 get there is just whichever bonds trade.

4 So that's something to bear in mind, in  
5 attaching any significance to this figure of  
6 10 years coming down to eight in the EICSI data.  
7 You've got to be cautious about that.

8 MS BRAKEY: Did any other expert want to weigh in?  
9 No? I'll move on to Clare then, who has been  
10 patiently waiting.

11 MS SAVAGE: So I've probably got two things. One of  
12 them probably takes us back a little bit and  
13 hopefully the other one takes us forward. But  
14 the first question, that takes us back a little  
15 bit, probably picks up Glenn and Dinesh's  
16 concerns a little bit more so we might need  
17 Esmond back on screen for a second because think  
18 there's a first order question: Is the data  
19 sufficient, or is the quality of the data  
20 sufficient for us to rely upon it? And I think  
21 both Glenn and Dinesh are raising questions about  
22 the number of observations and whether they are  
23 statistically significant. And Esmond, I think  
24 you spoke to the fact that we have a limited  
25 number of observations. But in the charts and  
26 analysis that we presented in the omnibus paper,  
27 did we test for statistical significance?

28 MR SMITH: Technology is a beautiful thing. I have

1           been chatting to other members of the team about  
2           that. And we will have to check this, but  
3           someone said the standard deviation around that  
4           4 basis point outperformance was around the  
5           15 basis point mark, so it was quite, quite  
6           large. We'll just have to check that, but yes,  
7           we don't have a lot of observations and it may be  
8           that the standard deviation is quite large.

9       MS SAVAGE:   With that larger standard deviation, the  
10           sort of fluctuation numbers in Tom's numbers is  
11           not unexpected in terms of his analysis as well,  
12           then?

13       MR SMITH:   Yes, probably that is right.

14       MS SAVAGE:   That was my first question. I wanted to  
15           just get an answer, I guess, to Glenn and  
16           Dinesh's concerns. The second part of it was to  
17           say, "Look, in our omnibus paper we have looked  
18           at this problem in terms of the way Martin had  
19           suggested, which is term credit rating residual.

20                    We said term is something we are unlikely to  
21           adjust for because of the fact we are using a  
22           10-year weighted average or trailing weighted  
23           average of debt, which would be hard to adjust  
24           for and keep NPV neutrality without some kind of  
25           transition.

26                    So there isn't a proposal on the table at  
27           the moment for the AER to address the term issue,  
28           but we have talked a lot about term today. The



1 credit rating issue, I think we are saying we  
2 don't think is part of it. And I hear your  
3 comments, Tom about, well, think about this in  
4 the context of setting the benchmark.

5 We are still seeing this residual  
6 outperformance. We are looking at this question  
7 of primary versus secondary market and the  
8 influence that might be having. So I'm just  
9 wondering, could the experts take us a little bit  
10 into their thinking there and perhaps ask Glenn  
11 and Dinesh to suspend reality and pretend we've  
12 got a valid data set that you are comfortable is  
13 statistically significant, and then talk to us  
14 about how you see this question of the residual  
15 and what, if any, kind of application?

16 Now, in part I think, Dinesh, when you were  
17 talking about underperformance and  
18 overperformance, we talked in the paper about a  
19 cap to deal with overperformance. Would you see  
20 equally valid the suggestion of a floor or is  
21 that not a theatrical counterpart, I guess, in  
22 that context?

23 So I'm just interested, in having a bit more  
24 discussion on this question of residual because  
25 it is one of the live issues that we have got on  
26 the table. And Tom, particularly in your  
27 comments do you see a way in which you could  
28 adjust the benchmark by thinking about residual

1 or do you see those things as incompatible as  
2 well?

3 MS BRAKEY: I might go to Dinesh first, for that  
4 first question. Then I'll come to Tom. So I'll  
5 go Dinesh, Glenn then Tom.

6 MR KUMARESWARAN: I think that if we are convinced  
7 that there is some persistent outperformance or  
8 under performance then the appropriate course of  
9 action would be, as Martin has suggested, to  
10 diagnose what the source of that outperformance  
11 is and then adjust the benchmark.

12 I don't think the appropriate course of  
13 action is to make an arbitrary adjustment to the  
14 allowance or to apply a cap or a floor.

15 MS BRAKEY: Thanks, Glenn?

16 DR BOYLE: I basically agree. Importantly, it has to  
17 be either outperformance or underperformance. We  
18 can't have both because if we had both, they  
19 would even out and we would have zero. We would  
20 be back to that situation.

21 So if it transpired one or other of those  
22 did appear to be a robust phenomenon then I  
23 certainly don't favour a straight adjustment of  
24 the allowance. There would have to be some kind  
25 of adjustment to the benchmark.

26 But I accept that would be tricky in a  
27 time-consistent sense because every time you  
28 adjust that, particularly if it's the term, then

1           there is another transition period and, you know,  
2           this could happen at every five years. It could  
3           get messy. But that's the only feasible way I  
4           see of approaching that issue.

5   MS BRAKEY:   Thanks, Glenn. Tom?

6   DR HIRD:    In answering that question, and in  
7           listening to those previous answers, I just want  
8           to first make a point of terminology. Perfectly  
9           reasonably, people refer to the benchmark often  
10          to include both the debt management strategy and  
11          the credit rating estimate that we are using to  
12          sort of compensate for that.

13                I am using a slightly different terminology,  
14                so I am talking about decision one being to  
15                define an efficient debt management strategy and  
16                decision two being to define essentially a way of  
17                compensating for the cost of that debt management  
18                strategy, which currently is the BBB+ credit  
19                rating average across RBA, Bloomberg and Reuters.

20                So having said that, going back to your  
21                question, what if we did see persistent  
22                outperformance? I think you're asking me, "What  
23                would you say should be done?" Well, I think  
24                that depends on what the data says. If the data  
25                says that we can explain that persistent  
26                outperformance by virtue of NSPs issuing at lower  
27                credit spreads than your BBB+ estimates from  
28                Bloomberg, RBA and Reuters then we would change

1           that as our estimate of how to compensate for the  
2           cost of the benchmark strategy.

3           We wouldn't necessarily change the benchmark  
4           strategy unless there were separate reasons to do  
5           that. But if we found that NSPs were issuing at  
6           50 basis points on average lower than the BBB+  
7           benchmark, then we would need to think about how  
8           to change the BBB+ compensation method. One way  
9           would be to raise that credit rating to a higher  
10          level.

11          Another way would be to retain the BBB+;  
12          level and apply a sort of halo effect for NSPs.  
13          Which of those you would choose would depend on  
14          which probably most accurately reflected NSP  
15          costs over the period that you had the data,  
16          assuming again that you had enough data to make a  
17          reliable call on that.

18       MS SAVAGE: Tom, is that closer to where we were in  
19           the papers before the omnibus? So in the 2020  
20           working papers?

21       DR HIRD: No, I think that's where you are now.

22       MS SAVAGE: You're suggesting that we use it to  
23           inform the blend?

24       DR HIRD: Yes, but that's --

25       MS SAVAGE: But that was our position in 2020.

26       DR HIRD: Yes, but the position previously wasn't  
27           based on matched tenor and credit rating  
28           analysis. That's where I - then you're picking

1 up differences in tenor as your explanation,  
2 which I say go to the benchmark. If you want to  
3 change that, go to the benchmark strategy and  
4 change it. But if you are appropriately matching  
5 to tenor and credit rating and there's still this  
6 halo, what do you do?

7 MS BRAKEY: Thanks. James?

8 MR HANCOCK: Clare, taking your proposition that we  
9 start from the point where we are confident that  
10 the EICSI is robust, I think if we start from  
11 that point then we are assuming that issues of  
12 tenor inconsistency or credit rating  
13 inconsistency have all been cleaned up and we  
14 have a sort of robust and comparable indicator.

15 And so then if I think about it, the primary  
16 differences between the  
17 Reuters-Thomson/Bloomberg/RBA and the EICSI  
18 relate to the securities that are in the samples.

19 And so the question then is, which of those  
20 samples is going to be more representative of the  
21 Australian networks? And so for the firms that  
22 are in the sample, presumably it does a very good  
23 job of capturing their circumstances because it's  
24 effectively sort of a population count of their  
25 debt raising costs.

26 But then there are also a number of other  
27 firms that are not in the sample because of their  
28 characteristics. And so the question is how

1 willing you are to assume that the experiences of  
2 firms that are in the EICSI, how robustly they  
3 translate across to the other network businesses.  
4 And I think that's the question that you need to  
5 come to grips with if you decide that you want to  
6 put the EICSI ahead of the alternative secondary  
7 market data sources.

8 MS BRAKEY: Thanks, James. Dinesh?

9 MR KUMARESWARAN: I'll just add something just to  
10 follow up to Jim's point. I think what he said  
11 is correct. So the EICSI, as I understand it,  
12 only reflects the data of the privately owned  
13 NSPs. And so there's a question about if you  
14 observe something with that data, should that  
15 result be extrapolated or used to set the  
16 allowance for all NSPs?

17 Equally, if the source of some  
18 outperformance or underperformance is being  
19 driven by a small subset of the NSPs, again is it  
20 appropriate to adjust the allowance for all NSPs  
21 on that basis?

22 I just wanted to also qualify my earlier  
23 answer to Clare with a couple of points. The  
24 first is I said that if we were convinced that  
25 there was consistent outperformance or  
26 underperformance, the appropriate course of  
27 action would be to adjust the benchmark.

28 Taking Tom's points on board, I think that

1 the decision to adjust the benchmark should also  
2 be weighed with the cost of doing that, because  
3 if we say, for example, that we are now convinced  
4 that the appropriate tenor is something less than  
5 10 years, well, that has some implications for  
6 the trailing average allowance that would need to  
7 be implemented and so we would need a further  
8 transition and there are some costs associated  
9 with that. So I think the AER should weigh that  
10 before changing the benchmark.

11 The second point is that if we do as Martin  
12 has suggested and diagnose what the source of the  
13 problem is, the solution should reflect the  
14 problem. So if, for example, we are convinced  
15 that the source of some outperformance is that  
16 NSPs are issuing shorter tenor debt than the  
17 10-year benchmark then I don't think the  
18 appropriate solution is to adjust the credit  
19 rating assumption because that's a more  
20 convenient way to fix the problem.

21 MS BRAKEY: Thank you, Dinesh. Do the board members  
22 have other questions that they would like to  
23 raise now? No? Okay. All right. Do the  
24 experts want to make any kind of final statements  
25 or comments on the index? Dinesh, is that an old  
26 hand or are you having another - that's an old  
27 hand? Okay. No further comments.

28 In that case, we might move on to the second

1 session which is about beta. I think we have got  
2 Glenn speaking, then Dinesh.

3 DR BOYLE: I'm up, am I, Anna? All right. I'll  
4 start my watch going so I don't go over five  
5 minutes.

6 MS BRAKEY: I'll give you a few minutes leeway. I  
7 just didn't want - I do think that the real value  
8 comes in the discussion. Not that there isn't  
9 value in your presentation.

10 DR BOYLE: No, no. I'll do my best.

11 MS BRAKEY: Thank you.

12 DR BOYLE: The important thing to remember about what  
13 we're trying to do in estimating beta is that  
14 beta is a description of how future returns will  
15 move together. Now, we can't observe this  
16 directly because it's in the future, so we have  
17 to estimate it from data. And as with all  
18 estimation, the best method depends on the  
19 underlying properties of the data that we are  
20 using to estimate.

21 So there are a few possible cases. The  
22 simplest possible cases is if those joint returns  
23 or returns generally are jointly IID [Independent  
24 and Identically Distributed]. In other words,  
25 the joint distribution of future returns just  
26 stays the same all the time. But if that's the  
27 case then beta is a constant. It never changes.  
28 And that is easy then, because just applying the



1 usual law of large numbers, et cetera, reasoning,  
2 then the best estimate we can come up with is the  
3 one that uses the longest possible time series of  
4 data. And in that case, the estimate we get will  
5 converge to the true beta.

6 Unfortunately, joint returns probably aren't  
7 IID. More likely is that the joint return  
8 distribution is stationary, but not IID. So  
9 there is a long run distribution to which the  
10 joint returns tend to converge to over time or  
11 return to over time, but in the short run, the  
12 distribution changes.

13 In this case, we have two betas. We have an  
14 unconditional or you can think of this as the  
15 kind of "long run beta", the beta to which beta  
16 tends to revert to or converges on. And then we  
17 also have the conditional or current beta. This  
18 is a beta that varies through time in a  
19 mean-reverting fashion reflecting current  
20 conditions, whatever they may be.

21 This can make a difference as to how we best  
22 estimate data. If the mean reversion just  
23 reflects mispricing then a rather clever paper by  
24 Jeremy Stein suggests the best thing we can do is  
25 just look through that, ignore it and basically  
26 pretend it doesn't exist and estimate the long  
27 run beta. That again indicates using the longest  
28 possible time series.

1           On the other hand, if the mean reversion  
2 reflects rational risk pricing as risk changes  
3 over time, or perceived risk and risk aversion  
4 changes over time and beta changes with it, then  
5 I say we may - and I just say "may" at this  
6 point - want to estimate beta using a shorter  
7 time series.

8           The final possibility is that the joint  
9 return and distribution is non-stationary. In  
10 other words, shocks to beta are permanent and  
11 there's no predictability from past data. Well,  
12 in that case we are stuffed, but it is very  
13 unlikely in the case of beta because the beta for  
14 the market portfolio by construction has to  
15 equal 1. So it is difficult for its individual  
16 components to wander off to plus or minus  
17 infinity. So for all practical purposes, we can  
18 rule out the non-stationary case.

19           Now going to the second page of those slides  
20 I sent through, going to question one, should we  
21 continue to use the longest available estimates  
22 of beta for our comparator firms to set the  
23 equity beta? Probably yes. In fact, I would say  
24 almost certainly yes. The optimal approach  
25 depends, as I have already suggested, on whether  
26 the beta is mean reverting or not, the source of  
27 that mean reversion if it is and also the speed  
28 of the mean reversion.

1           After all, if the reversion is very fast  
2 then the equity beta only diverges from the  
3 unconditional beta for very short periods of  
4 time, in which case you may as well just stick  
5 with the unconditional beta. The problem is that  
6 in the absence of robust evidence on these  
7 matters, it is pretty difficult to say what we  
8 should do.

9           And so as a working approximation, it is  
10 probably best to assume that beta is  
11 approximately constant and use the longest  
12 possible available time series. I say this for a  
13 couple of reasons. The first reason is that this  
14 assumption covers several cases. It covers the  
15 case where beta is constant. It covers the case  
16 where mean reversion is reasonably fast. As I  
17 said, if it's fast, then there's not much  
18 divergence or divergence disappears quickly. And  
19 it covers the case where mean reversion doesn't  
20 reflect rational pricing factors.

21           The second reason I say that is that the one  
22 case it doesn't cover, where mean reversion is  
23 relatively slow and it reflects rational risk  
24 pricing, is that we don't really know how to deal  
25 with that. Estimating rational variation and  
26 expected returns over time is the Holy Grail of  
27 asset pricing theory. We haven't got an answer  
28 to that, so trying to estimate the conditional

1 beta reliably is pretty much impossible.

2 Moving quickly to the second question, if  
3 the AER moves to a five-year term for return on  
4 equity, does that follow that we should place  
5 more reliance on estimates of beta in the last  
6 five years? These two issues are largely but not  
7 completely independent. Obviously there is no  
8 implications for estimating beta if the best  
9 approach is simply to use the longest time  
10 series, in the three cases I outlined before.

11 If that's not the case, though, it is  
12 unfortunately not as simple as just saying,  
13 "Well, we want to estimate beta over the next  
14 five years, so we'll use the last five years of  
15 data." The amount of data you should use is  
16 going to depend on, for example, the speed of  
17 mean reversion. If reversion is very slow and so  
18 beta is very persistent, you might want to use  
19 much less than five years. You might want to  
20 just use the last year, for example.

21 The point I'm getting at here is that  
22 precision of estimates is kind of a second order  
23 issue here. What matters is their accuracy. How  
24 close can we get to the true beta? And there has  
25 been quite a lot of work done in the academic  
26 literature in the last few years on exactly this  
27 topic.

28 It seems to me that at the moment we are

1 kind of wandering around with very little  
2 information. What is needed is for some kind of  
3 horse race using the methodologies that have been  
4 adopted in recent years and, you know, stack up  
5 the one-year of data, two years of data, three  
6 years, five years, 10 years, all of the various  
7 candidates, and just see which of them actually  
8 most accurately estimates realised or subsequent  
9 beta.

10 Nothing may fall out of that, but at least  
11 it will give us some information. The point I'm  
12 making here is that this is a question that can't  
13 be answered conceptually; it can only be answered  
14 by data. It has to be data informed. And  
15 without that data and evidence emanating from  
16 that data, in my view it is pretty difficult to  
17 justify putting any weight on five-year beta  
18 estimates or indeed ten-year beta estimates or  
19 one-year beta estimates or whatever, because we  
20 could actually be making the problem worse. If  
21 using five-year beta estimates doesn't match up  
22 with rate of mean reversion then we would just be  
23 moving further away from the true beta. So  
24 without that kind of evidence from data, this is  
25 a difficult question to answer.

26 MS BRAKEY: Thanks, Glenn. Dinesh?

27 MR KUMARESWARAN: Thanks, Anna. I have got a set of  
28 slides which I hope everyone can see. Let me

1 start with the second question, because I  
2 basically agree with Glenn that I don't think the  
3 choice of whether the AER should put most weight  
4 on the last five years should be strongly related  
5 to whether the AER moves to a five-year return on  
6 equity.

7 I think what we want is the best statistical  
8 estimate of beta, and the weights to give to beta  
9 estimates derived using different time periods  
10 should reflect that objective. I don't think  
11 that the rationale for moving to a five-year  
12 estimate of the return on equity, such as there  
13 is one, is related to the selection of the  
14 historical period. I just don't think that that's  
15 the case.

16 Turning to the question of whether the AER  
17 should continue to use the longest available  
18 estimates, I think the AER should give some  
19 weight to estimates derived using different  
20 estimation periods. The reason for that is  
21 because we cannot observe actual betas; we have  
22 to estimate them. There is estimation error.

23 I don't think we can really determine  
24 whether true betas are constant or mean reverting  
25 or, if they are mean reverting, how fast they are  
26 mean reverting. I would also make a point that  
27 for exactly the same reason that the AER might  
28 put weight on estimates from different time

1 periods, the AER should also consider putting  
2 weight on domestic and foreign comparators. And  
3 I'll explain that point in a moment.

4 The AER has itself identified that there is  
5 a trade-off that it faces when it is considering  
6 whether to use estimates derived over a long  
7 period of history or the most recent five-year  
8 period. There are pros and cons, and I agree  
9 with that analysis.

10 Just to run through those pros and cons very  
11 briefly, when you are using very short run data,  
12 the estimates will tend to reflect prevailing  
13 market conditions more, but the problem is that  
14 you have a fewer number of observations. And so  
15 what you get are very statistically noisy  
16 estimates. I've got a chart here on slide 2 that  
17 presents rolling five-year betas for the three  
18 currently listed comparators that the AER looks  
19 at.

20 You can see from this how volatile these  
21 estimates are. A lot of this is driven by the  
22 fact that we are using quite a short estimation  
23 period. And you can see towards the end there,  
24 there is a big drop. That drop was the basically  
25 the change in the stock market in response to the  
26 emerging COVID crisis.

27 So the period of February and March 2020,  
28 stock markets dropped very substantially. The

1 returns for these companies didn't drop so much,  
2 and so beta estimates fell very substantially.  
3 Now, that one very influential event will remain  
4 in those beta estimates or continue to affect  
5 those beta estimates for the next five years  
6 until that rolls out of the sample. That is one  
7 of the problems with using very short run data to  
8 estimate betas. You can get very noisy estimates  
9 that are volatile over time and that can be  
10 influenced by very significant events, and the  
11 estimates can change materially for that reason.

12 Now, I think there is a particular problem  
13 that the AER faces in this five-year period in  
14 putting weight on the most recent evidence, and  
15 that is because most of the comparators - so the  
16 AER just uses domestic comparators to determine  
17 its beta estimate, and most of those have now  
18 been delisted, so we are now down to just three  
19 comparators.

20 And over the most recent five-year period,  
21 two of those comparators have been subject to  
22 takeovers. Their share prices have changed as a  
23 result of that takeover activity, and you would  
24 expect that that has affected their beta  
25 estimates as well. And we have also in the last  
26 five-year period had a large shock to the beta  
27 estimates due to the COVID crisis.

28 So do these events have anything to do with



1 what we expect the betas for these types of  
2 regulated businesses to be over the next five  
3 years? I don't think it does.

4 And then finally, we're down to three  
5 comparators. Two will be delisted soon and we  
6 only have one left, APA, and the AER has  
7 expressed some reservations about the reliability  
8 of that as a comparator. An additional problem  
9 with putting a lot of weight on the most recent  
10 five-year period is that we have a dwindling  
11 number of comparators for the AER to rely on.

12 Now turning to the pros and cons of using a  
13 long estimation period. Well, it is the  
14 basically the inverse of the pros and cons for  
15 using a short estimation period. The longer the  
16 estimation period, the less susceptible the  
17 estimates are to just random variation in stock  
18 returns. So if you did have something like  
19 another pandemic, it will influence the estimates  
20 but probably not so much. So you get improved  
21 statistical precision in the estimates.

22 The downside is that the further back in  
23 time you go, the less relevant the data becomes.  
24 And that might introduce bias into the estimates.  
25 Just to use an analogy, the AER has expressed  
26 some reluctance to use overseas estimates to  
27 compare betas. And the concern there seems to be  
28 that there are things about the either the

1 markets in which those comparators operate or the  
2 firms themselves that might introduce some bias  
3 into the estimates.

4 One of the reasons you might get some bias  
5 is because the composition of the market index  
6 against which those foreign beta estimates are  
7 measured might be very different to the  
8 Australian market. Well, you might get exactly  
9 the same problem if you use very long run data  
10 from the Australian market.

11 Here's a chart that is produced by the RBA  
12 which shows how the composition of the Australian  
13 stock index has changed over time. And you can  
14 see, even if you go back to the 1990s, how  
15 different the composition of the index has been.  
16 The same sorts of concerns that the AER has about  
17 using overseas data may also apply if you go back  
18 in time and use very long run data to estimate  
19 betas.

20 Now onto slide 5. What should the AER do  
21 when it is facing this trade-off? Martin, in his  
22 notes that were exchanged between the experts,  
23 set out a framework for thinking about this.  
24 There is an important insight from the statistics  
25 and forecasting literature which says it is  
26 possible to reduce the estimation error by  
27 combining two estimates as long as the errors  
28 associated with those estimates are not perfectly

1 correlated, even if one of the estimates is  
2 materially biased.

3 So you might have two estimates and you are  
4 convinced that one of them is biased, but you may  
5 do better by combining the estimates with the  
6 unbiased one because of this - well, the reason  
7 for this, what's the intuition for this?

8 It is very similar to portfolio  
9 diversification. When you combine stocks into a  
10 portfolio, there is random variation in the  
11 returns of the stocks within the portfolio. But  
12 as long as the returns are not perfectly  
13 correlated, you'll get a reduction in the overall  
14 variation of the returns in the portfolio.

15 It is a similar sort of idea here. Glenn  
16 pointed out in his notes that it is not  
17 guaranteed that you will get a reduction in  
18 estimation error, and I agree with that. If the  
19 bias that is introduced by the additional  
20 estimate that you use is so large, it might  
21 overwhelm the benefits you get from a reduction  
22 in the variation in the errors.

23 That is true, but I think this is a valid  
24 thing for the AER to think about when it is  
25 considering what sort of time periods it should  
26 use. And I think my reading of the AER's  
27 preferred position is that this is the way the  
28 AER has been thinking about the issue. So there

1 are some pros and cons when using estimates from  
2 different time periods, but the AER thinks that  
3 giving different some weight to estimates from  
4 those different time periods will result in a  
5 better estimate. And I think that is probably  
6 right.

7 Some further thoughts on that: Does the AER  
8 have to use the longest estimation period  
9 possible rather than, say, 10 years? Well,  
10 I think that depends on how large the incremental  
11 gain in the statistical precision is relative to  
12 the increase in the potential bias that you might  
13 get by going back further in time.

14 I also think that if the AER wants to put  
15 weight on estimates from different time periods,  
16 it should be clear about what those weights are  
17 and why it thinks those weights are appropriate.  
18 I know that is a difficult task, but I think it  
19 is important to set that reasoning out.

20 The final point I would like to make is that  
21 the rationale for combining the estimates to  
22 reduce the scope for estimation error applies  
23 equally, I think, to the choice of comparators.  
24 Let me just explain that point very quickly.

25 When you are thinking about what data you  
26 should use for the beta estimation process,  
27 I think it is useful to think about two  
28 dimensions. One is the time dimension. So what

1 estimation period should we be using? Should we  
2 use the longest period available or the most  
3 recent five-year period to do the estimation?  
4 There is a trade-off there that we have just  
5 talked about.

6 And I think there is an analogous  
7 consideration, a second dimension. It is a  
8 cross-sectional one. So which comparator should  
9 we use when we do the estimation? Should we give  
10 all the weight to the domestic comparators or  
11 should we also consider foreign comparators?

12 You would think that the domestic  
13 comparators would produce less bias in the  
14 estimates because they are more comparable to the  
15 sort of businesses that the AER is regulating.  
16 But because the sample so small and getting  
17 smaller by the day, you get worse statistical  
18 precision in the estimates. Whereas if the AER  
19 were to also give some weight to the foreign  
20 comparators, you run the risk of introducing some  
21 bias in the estimates but you get greater  
22 statistical precision because you expand the  
23 sample, the comparator sample.

24 So what should the AER do? I think in  
25 relation to the time dimension, the AER should  
26 give some weight to estimates derived using  
27 different time periods to reduce the scope for  
28 estimation error. And likewise, it should also

1 think about giving some weight to domestic and  
2 foreign comparators for exactly the same reason.

3 I think these are both essentially the same  
4 problem that the AER is trying to solve, to  
5 minimise the estimation error associated with the  
6 estimates.

7 MS BRAKEY: Thanks, Dinesh. Any questions or points  
8 from board members, first of all? Jim?

9 MR COX: A couple of questions, I think, to both  
10 speakers. To Glenn, I'd like to hear him talk  
11 about the relevant points that Dinesh made,  
12 because that seems to me to be the  
13 counterargument.

14 To Dinesh, you suggest we should weight  
15 various sorts of evidence and you said that it  
16 was a difficult problem. What guidance could you  
17 give us as to how those weights could be derived,  
18 bearing in mind they have to withstand scrutiny  
19 from the public? I'd be interested in hearing on  
20 those two points.

21 MS BRAKEY: Glenn, I'll go to you first.

22 DR BOYLE: All right. Jim, the point of disagreement  
23 you are referring to is what?

24 MR COX: I think Dinesh is saying - you are arguing  
25 for the longest period in most circumstances,  
26 practically.

27 DR BOYLE: Yes.

28 MR COX: Dinesh was saying that introduces a lot of

1 irrelevant data because the world changes and is  
2 different from what it was 20 or 30 years ago,  
3 and that old data just simply isn't useful  
4 anymore. That, I think is the point of  
5 disagreement. It's probably exaggerated, but I  
6 think that was the point of disagreement.

7 DR BOYLE: No, no. That is exactly right. Well, the  
8 extent to which the world has changed, at least  
9 as far as beta is concerned, is an empirical  
10 question. So I would agree with Dinesh on that  
11 up to a point.

12 Perhaps rather than longest "possible" time  
13 series I should have used the word "feasible"  
14 rather than "possible", because if it turns out  
15 there is some major structural break in the time  
16 series, like the introduction of legislation that  
17 wasn't there before, then going back beyond that  
18 point might indeed bring about the kinds of  
19 problems that Dinesh is referring to.

20 So I don't think we are necessarily  
21 disagreeing there fundamentally, except to the  
22 extent that if there isn't any evidence of those  
23 kinds of breaks so that the world has  
24 fundamentally changed then again I'm just drawing  
25 on the law of large numbers. Use the longest  
26 possible time series of data.

27 I think the more fundamental disagreement  
28 between Dinesh and I, even though I didn't

1 actually mention this, was about the use of  
2 foreign comparators. I think the argument he is  
3 making here is based, because he referred to  
4 Martin's work, on something called mean squared  
5 error.

6 It is certainly true and mathematically true  
7 that if you add in betas from a different source  
8 that are uncorrelated with your original source  
9 then you will get a lower mean squared error,  
10 which is basically precision plus bias. But the  
11 thing is I could achieve that just by randomly  
12 drawing numbers out of the phone book. And I  
13 don't think anybody would suggest that we should  
14 do that as a method of estimating beta.

15 Here's another analogy: Suppose I wanted to  
16 estimate the size of Cocker Spaniels, the mean  
17 size of Cocker Spaniels. Right? So I would  
18 collect data on the size of Cocker Spaniels and I  
19 would take the arithmetical average, and that  
20 would be my estimate of the mean size of Cocker  
21 Spaniels. But I might not have many Cocker  
22 Spaniels, and so the standard error is high and  
23 it is not very precisely estimated.

24 But it turns out I have access to an  
25 unlimited amount of data on Great Danes, say, or  
26 alternatively Chihuahuas. Now, I could add in  
27 the Great Dane data, I have a lot of it, in with  
28 the Cocker Spaniels, and I could take the average



1 of that, and I would have a much more precisely  
2 estimated mean, but not a very sensible one  
3 because they are fundamentally and this case  
4 literally different beasts.

5 It is the same with domestic and foreign  
6 comparators or betas. Not perhaps to the same  
7 extent, but along the same lines. And the point  
8 I'm making here is that at the end of the day we  
9 need a number here. We're not testing  
10 statistical hypotheses. Hypothesis testing isn't  
11 the issue here. So precision, statistical  
12 precision, is very much a second order issue.

13 It is much better to have an accurate, as in  
14 unbiassed, estimate, even if it is imprecisely  
15 estimated, for the purposes that the AER are  
16 going to put it to because they need a single  
17 number. You don't need a range, you need an  
18 actual number. And so, it is important that that  
19 single number contain as little bias as possible.  
20 It may not be very precisely estimated, but that  
21 is better than having a precisely estimated,  
22 heavily biased number.

23 And so, that is why I don't like the use of  
24 foreign comparators and their betas. But even if  
25 you ignore all of that, there is then the issue  
26 of how you determine the appropriate weights. It  
27 is almost certainly not  $1/N$ . It is probably  
28 a lot smaller than that, even if your criterion

1 is minimising mean squared error.

2 I don't know how to determine those weights,  
3 and if you can't quantify something, you're  
4 really opening it up to abuse. We could set any  
5 number you like; everybody will be advocating for  
6 a number that best suits their purposes.

7 And so, even if you ignore all the  
8 in-principle problems with foreign comparators, I  
9 think there is a simple practical one: What is  
10 the weight you put on them? And if you can't  
11 quantify it then you go back to the in-principle  
12 problem and say the weight is zero. Have I  
13 answered your question, Jim? Probably? Thank  
14 you.

15 MS BRAKEY: Thanks, Jim. Dinesh?

16 MR KUMARESWARAN: To Jim's question to me, "How  
17 should the weights be derived?", I don't think  
18 there is a mathematical formula that you can  
19 apply to magically produce some weights. I think  
20 what the AER has to do is weigh the evidence and  
21 exercise some judgement. So that's why I say it  
22 is difficult to make this trade-off.

23 But I think that that judgement has to be  
24 made in the current context that we find  
25 ourselves in. So the AER is now in a situation  
26 where it is facing basically a null set of Cocker  
27 Spaniels, or rapidly getting to that point. So  
28 how do we estimate betas in that context? Well,

1 I think that the analogy that Glenn used between  
2 Cocker Spaniels and Chihuahuas is a little bit  
3 exaggerated, because I don't think that is  
4 exactly analogous to the situation that we find  
5 ourselves in.

6 Do we really think that energy networks in  
7 other countries are so different that they  
8 provide zero useful information? Particularly in  
9 a situation where we will soon find ourselves in  
10 a situation where we don't have any listed  
11 comparators or maybe only one listed comparator  
12 from which to estimate betas in Australia?

13 I think in that context it is reasonable to move  
14 from giving zero weight to overseas comparators  
15 to some weight.

16 MS BRAKEY: Thanks, Dinesh. Martin?

17 MS SAVAGE: So you think international data is more  
18 like a Field Spaniel, which is probably quite  
19 close to a Cocker Spaniel?

20 MR KUMARESWARAN: Quite possibly.

21 MS BRAKEY: Martin?

22 DR LALLY: I'm surprised that Glenn favours choosing  
23 an estimator with zero bias rather than an  
24 estimator with the lowest mean square error. It  
25 is standard practice in the statistics literature  
26 to choose estimators with the minimum mean square  
27 error rather than minimum bias, so I'm surprised  
28 by that.

1           As far as species of dogs are concerned,  
2           Clare, I think you've nailed it that the  
3           comparison here is not Cocker Spaniels versus  
4           Great Danes, but Cocker Spaniels versus a similar  
5           species.

6           The third point I would make is that in  
7           circulating a note a couple of weeks ago which  
8           has triggered off all this issue about mean  
9           square error versus bias, the conclusion you get  
10          from that is that some weight on foreign  
11          estimates would be useful in conjunction with the  
12          local ones. There's no suggestion that weight  
13          would be 50-50, but some weight.

14          It appears Dinesh then extrapolates that  
15          idea to the question of how much historical data  
16          you should use, and he regards the five-year beta  
17          estimates and the 20-year estimates as being two  
18          competing estimators and we should put some  
19          weight on each of them.

20          I don't agree with that at all. The  
21          methodology that I referred to in my note of a  
22          week ago would say to you, in respect of the  
23          historical period to choose, not how much weight  
24          to put on five-year betas versus 20-year betas,  
25          it would say to you, "What historical period  
26          should I use that would minimise mean square  
27          error?"

28          That historical period might be five years,

1           it might be 20 years. Likely, it would be  
2           something between the two. So the pooling, if  
3           you like, or the compromise position, does not  
4           consist of putting some weight on the five and  
5           some on weight the 20; it involves choosing a  
6           historical period that minimises mean square  
7           error. And that might be, says 15 years.

8                        So there is no pooling there. I think  
9           Dinesh, in extrapolating from foreign versus  
10          local to the historical period issue, that that  
11          extrapolation does not apply.

12       MS BRAKEY:    Thanks, Martin. Eric, did you want to  
13                        jump in on this point before I go to Tom? Or are  
14                        you going to raise a different point?

15       MR GROOM:     I'll come in after Tom, if that's okay.

16       MS BRAKEY:     Yes, good. Tom, thank you?

17       DR HIRD:      I just wanted to really ask the question,  
18                        which maybe people can take up or not, but a lot  
19                        of this discussion - the key issue is how much  
20                        weight to give to foreign comparators. And the  
21                        key question in that regard is how different are  
22                        they likely to be to domestic comparators and  
23                        what's the evidence that can be used to decide  
24                        that question? And so I think that's the  
25                        question.

26                        I think, as I understand it, the evidence  
27                        that's been put forward to date that Australian  
28                        NSPs have lower equity betas than foreign

1 comparators is because you have got some measured  
2 estimates that are lower than in other  
3 jurisdictions. That seems to be the evidence.

4 I don't see very much compelling evidence  
5 that an Australian NSP is riskier than Vector.  
6 Just conceptually, if I was to ask the question,  
7 "Would I expect Vector in New Zealand to be more  
8 or less risky than AusNet in Australia?", my  
9 answer would be conceptually no. Then it comes  
10 back to this, that the betas are lower when we  
11 measure the betas.

12 My question is how reliable are those  
13 Australian betas, given the very small sample?  
14 How reliable is the mean of those? How reliably  
15 can we say that is lower than the mean for other  
16 jurisdictions? And that's just historically,  
17 right? That's a question - if we sort of looked  
18 at these numbers and we looked at them over some  
19 historical period in the US, New Zealand and  
20 Australia and said, "Well, they were lower in  
21 Australia over that period." We can make some  
22 statistical assumptions about the population we  
23 were drawing those Australian betas from and do  
24 some analysis.

25 And when I do that I get a very wide  
26 confidence interval for Australian betas, but it  
27 is wider than - it encompasses the mean from  
28 other countries. Putting that aside, that is a

1 historical estimate. And then we have to ask the  
2 question, "What does that tell us about the  
3 future? What confidence do we draw from that  
4 past to the future?"

5 There, Dinesh's point earlier is critical to  
6 think about international versus domestic  
7 comparators, which is that over the estimation  
8 period that the AER is using for the Australia  
9 betas, we had very different regimes for the  
10 Australian stock market markets. A mining sector  
11 boom, mining sector bust, global financial crisis  
12 and most recently a pandemic. All of those  
13 events are what we are - and some of them are  
14 shared in foreign jurisdictions and some of them  
15 not the same. They are peculiar to Australia.

16 If we are going to rely on those historical  
17 estimates to project forward for the Australian  
18 betas, which I think is the AER's current  
19 preliminary position, we are essentially saying  
20 that those things that affected the stock market  
21 in your estimation period, they are the things we  
22 expect to see again in the future.

23 I think that is almost certainly not true,  
24 and that further weakens the statistical  
25 relevance of having had lower bets in one period  
26 in Australia versus in other jurisdictions over a  
27 historical period.

28 MS BRAKEY: Thanks, Tom. James?

1 MR HANCOCK: I have a couple of comments, but I will  
2 for the moment confine myself to the issue of the  
3 use of international comparators. It seems to me  
4 that we would expect Australia to have lower  
5 betas than overseas countries. The reason for  
6 that is that the Australian economy and therefore  
7 the Australian stock market has a high  
8 representation of commodity producers that have  
9 very accentuated price cycles.

10 So there is a lot of volatility in the  
11 returns to those parts of the Australian economy.  
12 And that same degree of volatility is not present  
13 in economies that are fundamentally manufacturing  
14 and services based. So if it were the case that  
15 revenue streams in Australian utilities were  
16 about as risky as revenue streams in, say, the US  
17 or the UK then we could expect to have lower  
18 betas in Australia than in those overseas  
19 jurisdictions. And so before using international  
20 comparators, I would be wanting to consider  
21 explicit adjustments for the upward biases in  
22 those overseas comparator betas.

23 MS BRAKEY: Thanks, James. Tom, did you want to  
24 respond to that?

25 DR HIRD: Very quickly, just to make a point that in  
26 some periods in Australian history we have had  
27 the equity market dominated by resources sectors  
28 and in others less so. And the historical period



1 that we're using captures what actually was the  
2 case, and Dinesh presents a useful chart of that  
3 where that resources component of the  
4 capitalisation of the stock market was much  
5 higher in 1980 than in 2000, right? And indeed,  
6 much higher at the beginning of the current  
7 century than it is now.

8 So I agree that there is the potential for  
9 these differences, but there isn't one Australian  
10 stock market. There is a series of different  
11 Australian stock markets through time. When we  
12 say, "We must use Australian data and a long  
13 period of Australian data," we are just  
14 projecting forward the past onto the future, and  
15 that is not necessarily a reliable position.

16 MS BRAKEY: Thanks. I'll wrap up this after, so  
17 Martin, did you want to make a very, very quick  
18 comment before I move to Eric?

19 DR LALLY: Jim makes a very good point that the  
20 composition of the Australian index may be quite  
21 different to other countries, in particular these  
22 resource stocks. If they have high betas and  
23 high weight then everything else must have low  
24 beta because the beta average is one in each  
25 market.

26 But there is a way of correcting for that.  
27 You can take betas from foreign countries,  
28 companies from foreign markets, and you can

1 re-estimate their betas with the market industry  
2 weights that are reflective of Australia. So  
3 that problem can be addressed.

4 MS BRAKEY: Thanks, Martin. Eric, I'll move onto  
5 you.

6 MR GROOM: Thanks. I think this has been a really  
7 interesting and useful discussion, because it has  
8 surfaced, if you like, a lot of implicit  
9 assumptions in the use of either long term data  
10 or international data. The framework may apply  
11 to when we talk about other parameters too in  
12 terms of cost of capital, in terms of thinking  
13 about how we take into account different sources  
14 of information in coming to a decision.

15 That seems to be really the nub of it, in a  
16 sense. Glenn put it in terms of weighting zero  
17 and one, and Dinesh is arguing more for a weight  
18 between zero and one to be given to different  
19 sources of data.

20 I guess there are two questions for me: If  
21 we were of a mind to consider international data,  
22 how would we determine how we weight that and  
23 what would be the issues in determining which  
24 countries to look at in compiling that  
25 international data?

26 If I look at, for example, the valuation for  
27 Spark - and I believe the valuer/expert for  
28 Ausnet took a similar approach - it was a very

1 modest and small list of international companies  
2 that they brought into the data set when they  
3 were assessing betas. And it struck me that if  
4 we were to do the same thing, wouldn't we be  
5 criticised for being arbitrary in terms of the  
6 data we took into account? So I guess I am  
7 looking for advice on that practical issue, if  
8 one were of a mind to go down that track.

9 MS BRAKEY: Thanks, Eric. Who wants to jump in and  
10 answer that first?

11 DR HIRD: I'll just say that you are going down that  
12 track irrespective of what you do. If you  
13 continue to give zero weight, that's making that  
14 decision. So we are in that world no matter  
15 what. It's complex technical sort of questions  
16 about how to determine those weights, but the  
17 first starting point is find some regulated  
18 businesses that have similar - that are mainly  
19 regulated. My starting point would be that they  
20 have the same risk unless there's analysis done  
21 to suggest that they don't. That would be how  
22 I approach the issue.

23 MS BRAKEY: Thanks, Tom. Martin?

24 DR LALLY: Same point as Tom.

25 MS BRAKEY: Okay. Dinesh?

26 MR KUMARESWARAN: Yes, I think that that's right.

27 What I would suggest is that we start with a  
28 global industry classification, and start with a

1 large universe of potential comparators. Then we  
2 get rid of the comparators that have poor data or  
3 have insufficient data to contribute to the  
4 estimation process. They may be very illiquid  
5 stocks that are not suitable to include in the  
6 estimation process, so take those out.

7 Then I would go through and, as Tom  
8 suggested, check for what we consider to be the  
9 important characteristics for a suitable  
10 comparator for an Australian business. So if the  
11 AER thinks that it is important that we only look  
12 at regulated businesses, well, go through the  
13 list of comparators and take out the ones that  
14 have a large share of unregulated activities.

15 And you would have to apply some threshold,  
16 some sort of filter, some sort of mechanistic  
17 rule to do that, and that will require some  
18 judgement. There is no magic answer to that.

19 So set a threshold but be transparent about  
20 what the threshold is and then filter out the  
21 comparators. And then what you'll end up with is  
22 a set of comparators that are perhaps a few  
23 dozen. Then you go through those and you do a  
24 sense check for whether those look reasonable or  
25 not.

26 I would just point you to an exercise that  
27 the Commerce Commission in New Zealand did in  
28 2016 when it set its input methodology's

1 examinations. It went through exactly the sort  
2 of exercise that I've just described now, and it  
3 ended up with a sample of something like 70-odd  
4 comparators.

5 And I would err on the side of using more  
6 comparators rather than less because I'm of the  
7 same mind as Tom, that I think the presumption  
8 should be that the comparator is of similar risk  
9 to the Australian DNSP or NSPs unless there is a  
10 good reason to think otherwise. So the burden of  
11 proof should be, or the rule should be, that we  
12 only take out comparators if we are convinced  
13 that they are not good comparators.

14 MS BRAKEY: Thanks, Dinesh. Glenn?

15 DR BOYLE: That's all very well and good, but as a  
16 practical matter what do you then do? You've got  
17 a bunch of foreign comparators and you estimate  
18 their betas. Maybe you average them and you  
19 combine them in some way or you potentially  
20 combine them in some way with the domestic  
21 confirmed betas.

22 But how does this help you in telling or  
23 indicating what weight you should put on them?  
24 Suppose foreign betas turn out to be basically  
25 indistinguishable from the domestic ones. Does  
26 that mean you put a lot of weight on them or no  
27 weight on them because they are not adding any  
28 information? It doesn't really matter, because

1 if they are the same then whatever weight you put  
2 on them will give the same answer.

3 On the other hand, what if they are a lot  
4 different? What if they are a lot higher than  
5 the domestic values or a lot lower? That could,  
6 as Tom has suggested, indicate that there is  
7 measurement error in the Australian betas and  
8 there is something wrong with them, or it could  
9 indicate that the Australian firms are just  
10 different to the average of these firms overseas,  
11 in which case you wouldn't put any weight on them  
12 at all.

13 And if it is that there is something wrong  
14 with the Australian firms, does that mean you put  
15 100 per cent weight on the foreign betas? In  
16 other words, what I'm getting at is here is there  
17 is no easy translation from undertaking the sort  
18 of exercise that Dinesh is talking about to  
19 finding a weight to give to these foreign betas.

20 MS BRAKEY: Okay, thanks. I'll let Dinesh respond  
21 and then we'll go to Clare because I suspect  
22 she's going to change the topic again.

23 MR KUMARESWARAN: I agree with you, Glenn, that it is  
24 a difficult task to assign weights to these  
25 different types of estimates. But I think I  
26 heard you correctly when you said that if they  
27 are very different to the Australian businesses  
28 you should give the foreign businesses, or the

1 estimates derived using foreign businesses, a  
2 zero weight. I don't think that's correct.

3 I think you should only assign them a zero  
4 weight if they contribute no useful information  
5 at all. And I think the AER's task is to assess  
6 how much useful information they contribute.  
7 That is going to involve exercising some  
8 judgement and understanding the characteristics  
9 of the different samples. I don't think there is  
10 any way of getting away from that.

11 MS BRAKEY: Thanks, Dinesh. Clare?

12 MS SAVAGE: Thanks, Anna. I think you know me well  
13 already. I was going to say Eric likes to talk  
14 about the hedgehog and the fox. So I think we  
15 know that Glenn is a hedgehog and Dinesh is a fox  
16 when it comes to the use of samples.

17 We've talked a lot about international data  
18 and whether we should be using it in this  
19 context, and I think if we are going to go down  
20 that path, there are then questions about  
21 international CAPMs and international market risk  
22 premiums and let's not open that right now.

23 But what I wanted to just come back to was  
24 Martin made the statement before about what  
25 historical period minimises mean square error.  
26 So trying to focus this in a bit on this question  
27 around the period again. There is obviously a  
28 view there that rather than weighting different

1 periods or different sets of data, we should use  
2 the best possible period.

3 I'm just interested in whether the other  
4 experts have a view on Martin's comment there?  
5 And if I have mischaracterised what you've said,  
6 Martin, sing out. But I thought you were  
7 basically saying to choose a period rather than a  
8 weighting.

9 MS BRAKEY: Thank you. Who wants to jump in on that  
10 first? James, quick with the trigger?

11 MR HANCOCK: Yes. I think that we should use as long  
12 a data set as we've got that we think is robust.  
13 So perhaps that is the sort of period that is  
14 published in the AER's work to date.

15 Picking up on Glenn's comments, I think that  
16 to use recent data, you have to ask why you are  
17 using it, the more recent data period. Firstly,  
18 as Glenn said, you could be assuming that the  
19 data are non-stationary. Now, we don't really  
20 have evidence that this is the case. It could be  
21 that the betas cycle, but even if they do, we  
22 don't really know what to make of that. Because  
23 if, for example, let's take a very simple case  
24 where the cycle was eight years. Then, we would  
25 have a four-year period when the betas are below  
26 average and then we expect in the next period  
27 that the betas are above average. So if that is  
28 the case, we don't want to be taking the last



1 four years. In fact, we want to be reversing  
2 them.

3 So we just don't know how to use this sort  
4 of variation in the data. And so, I feel that we  
5 shouldn't use it and we should go for a long  
6 period to acknowledge that we don't really know  
7 the data generating process well. If someone  
8 comes up with something that can demonstrate a  
9 better understanding of it, then fine. Then, we  
10 consider it.

11 Secondly, the point has been made of  
12 combining estimators. So combining long  
13 estimators and, say, a five-year period  
14 estimator. I would caution on the idea that  
15 there is much increase in precision to be had  
16 from that, both those estimators are using the  
17 same underlying sample. So they are really just  
18 applying different weights to the same underlying  
19 sample. So in the five-year one, you apply a  
20 zero weight to everything that is older than five  
21 years. In the long period, you are using -  
22 putting a smaller weight on the most recent five  
23 years, but you don't actually have a lot of new  
24 sort of sample points. You are simply applying  
25 different weights to them.

26 So my overall impression, given all of these  
27 problems, is that going for a long period is  
28 probably the approach that exposes you least to

1 measurement error.

2 MS BRAKEY: Thanks. Tom?

3 DR HIRD: I think I generally agree with what James  
4 just said, but I just put the caveat in place  
5 that with any historical estimate of the equity  
6 beta, what you are measuring is the relationship  
7 between the firm in question and the stock market  
8 in that period. And then if you are going to  
9 take that equity beta estimate and apply it in a  
10 forward-looking basis, you are basically saying  
11 that the shocks to the economy in that period are  
12 representative of what the shocks we expect to  
13 hit the economy in the future.

14 And so that is a way of answering - you  
15 know, that is a relevant consideration when  
16 deciding how long of an estimate to use and also  
17 whether you think the diversity in markets  
18 assists of coming up with some sort of  
19 aggregation of the different types of shocks that  
20 might exist that might apply in the future.

21 MS BRAKEY: Thanks, Tom. Glenn?

22 DR BOYLE: I missed part of Clare's question. It  
23 broke up. But I think I got the gist of it,  
24 which was about Martin's suggestion for  
25 minimising mean square error, et cetera. That  
26 actually is one example of the recent academic  
27 work I referred to in terms of estimating  
28 accuracy of different candidates for beta.

1           However, there is a slight problem in that  
2           once you get out to the long estimation periods  
3           or long data periods, you don't have very many  
4           independent observations. Particularly for  
5           15 years, how many independent 15-year periods do  
6           we have in the data? I would suggest not very  
7           many. So the objective of minimising mean square  
8           error, of there are other ways of doing it, has  
9           its limitations. It works well if we are dealing  
10          with short estimation periods, not so well if we  
11          are dealing with longer ones.

12           Just very briefly, I may be misquoting Tom  
13          here. He has expressed some concerns about using  
14          a long series of data because it involves  
15          projecting the past onto the future. Well,  
16          that's basically - if we can't do that, we can't  
17          do anything. If you are saying that you can't do  
18          that, you are basically assuming that the  
19          underlying distribution is non-stationary,  
20          because that is when there is no predictive power  
21          from the past. So while I understand what Tom is  
22          getting at, we basically have to assume that  
23          there is some predictive power in the past or we  
24          are nowhere.

25          MS BRAKEY: Thanks, Glenn. Tom, did you want to  
26          respond?

27          DR HIRD: I to some extent agree that if you can't  
28          use the past to predict the future then we are in

1 a difficult place. But I think it is important  
2 to say that we can look back and look at what  
3 happened in those periods and ask the question,  
4 "Are they likely?" Do we think that that exact  
5 mix is likely?

6 I think if your process was to use a  
7 five-year equity beta and you just measured one  
8 that covered the global financial crisis, and you  
9 were measuring that in 2012, you would have a  
10 good reason to think, "Well, okay. That is  
11 giving a lot of weight to that particular set of  
12 market shocks, but is that consistent with what  
13 my best estimate is going forward?"

14 So I totally agree that you can use the  
15 past, but you have to be aware that the past is  
16 unlikely to be like the future. And where that  
17 is most important and most practical is this  
18 question of - well, I'll give you an example of  
19 why that's important, or potentially important.

20 We are all aware of the transition away from  
21 gas, that gas businesses are likely to have a  
22 limited remaining life, especially gas  
23 distribution. There is going to be a change in  
24 the energy sector.

25 Now, that change isn't in the past. It may  
26 be that you theorise that that won't have any  
27 effect on estimated betas, but that is a theory  
28 and you could theorise that it will. Closing

1 your eyes to say, "Well, we don't have any  
2 Australian data on that and it is too hard to  
3 look at international data," I think that would  
4 be a mistake because the future isn't going to be  
5 the same as the past. With international data,  
6 if we don't have Australian data, we've got one  
7 observation, then we are not going to be able  
8 to - you know? So there is going to be  
9 information out there that we need to find.

10 MS BRAKEY: Thanks, Tom. Clare?

11 MS SAVAGE: This might be a question of detail that's  
12 less relevant. I guess it's just something I was  
13 curious about. We talk about excluding the GFC  
14 in some of the datasets that we are looking at.

15 I was just looking at the chart that Dinesh  
16 had there. Given the prevalence of the banking  
17 sector at that point in the composition of market  
18 capitalisation and the protection the banks  
19 received from government through the GFC, does  
20 that have a bearing on the relative equity betas  
21 we would observe through that period? And is  
22 that perhaps one of the reasons why you would  
23 exclude the GFC? Or not, really?

24 DR HIRD: I mean, I would respond to that just to say  
25 conceptually, yes. That, as a large shock, is  
26 going to affect the measured betas. I have done  
27 work in the past that says in that period  
28 financial stocks had the highest estimated betas.

1 And as Martin points out, everyone else has lower  
2 estimated betas as a result of that.

3 And equally, in the lead-up to the global  
4 financial crisis the resources sector had very  
5 high betas. It was growing very fast and  
6 dragging the market up with it at very high  
7 estimated betas and everyone else as a result, by  
8 definition, had low betas. And those events, you  
9 can't just think, "We'll just take 10 years.  
10 That's the longest period." You really have to  
11 think, "What is in that 10 years? And what's  
12 likely to be driving the estimated betas?"

13 MS BRAKEY: Thanks, Tom. Glenn?

14 DR BOYLE: But there will always be unanticipated  
15 shocks in the future. It doesn't have to be  
16 another GFC, but something else could generate a  
17 similar kind of impact on financial markets or  
18 indeed even betas. So I think excluding  
19 something because it seems extreme at the time  
20 from a long series of data is often throwing out  
21 the most useful information. So I would be a bit  
22 wary about doing that.

23 Another thing I think we need to keep in  
24 mind here is that estimating first moments means  
25 like the market risk premium is very different  
26 from estimating second moments like covariances.  
27 As no doubt we'll get into when we get to the  
28 market risk premium, estimating means just

1 requires a long time series of data.

2 Second moments, you can get a lot of an idea  
3 of what's going on and a more precise estimate  
4 simply by increasing the frequency of the data  
5 over a shorter period, because it is how things  
6 move together. So a shock like the GFC will  
7 have a big impact on prices and generate negative  
8 returns, but they all tend to go down together.  
9 It won't necessarily have anything like the same  
10 impact on betas.

11 So it's kind of important just to keep that  
12 in mind; this idea of throwing out or reducing  
13 time series simply because you're concerned about  
14 particular events is less of an issue. I agree  
15 it would be a big issue if you were estimating a  
16 mean. Estimating a beta or covariance is a bit  
17 less of an issue.

18 MS BRAKEY: Thanks. I'll go back to the board  
19 members now. Are there other issues that you  
20 want to raise? In that case, we still have  
21 25 minutes left. I just wonder if the experts  
22 have any - sorry, Eric?

23 MR GROOM: I thought I might just come back to this  
24 issue of gas and stranding, because I think it is  
25 quite an important issue going forward. That is,  
26 to what extent is a stranding risk expected to be  
27 reflected in betas? I guess that comes back to  
28 the extent to which it will show up as a

1 systematic risk or not?

2 MS BRAKEY: That is exactly where I was going to take  
3 the conversation, Eric.

4 MR GROOM: If that were the case, how could that be  
5 reflected in the beta given the absence of data  
6 from a prior period where the stranding risk  
7 wasn't so apparent as it is becoming?

8 MS BRAKEY: Thanks, Eric. I think that is a really  
9 interesting question. Does anyone want to have  
10 first crack at that?

11 DR BOYLE: Well, I'll walk into the lion's den.  
12 I think stranding is potentially a real issue,  
13 but I don't think it is accommodated via beta.  
14 To the extent that it is and does actually turn  
15 out to be a systematic risk, then yes. How we  
16 would estimate that, I'm not sure.

17 But I'm not convinced that it is a  
18 systematic risk. It may still be a risk that  
19 requires compensation for various reasons due to  
20 market imperfections, but trying to do so via  
21 beta assumes that its risk premium is the same as  
22 that of systematic, in other words, the market  
23 risk premium - which could be the case by sheer  
24 chance, but in general is unlikely to be the  
25 case. So my at least preliminary view is that  
26 I'm pretty doubtful about allowing for systematic  
27 risk via an adjustment in beta. I think it needs  
28 to be calculated and adjusted separately.



1 MS BRAKEY: Thanks, Glenn. Dinesh?

2 MR KUMARESWARAN: I think Glenn is spot on there.

3 I don't think there is any reliable way, firstly,  
4 to determine if stranding is a systematic risk  
5 for these gas networks. And secondly, if it were  
6 a systematic risk, how you would measure that  
7 given that we haven't seen it in the historical  
8 record. I just don't think there is any way of  
9 doing that.

10 I have seen some arguments made that you  
11 might compare beta estimates for gas businesses  
12 and electricity businesses historically and try  
13 and discern something about the difference in  
14 risk between those types of businesses. I just  
15 think that the beta estimates are so noisy that  
16 you can't make out anything useful by doing that  
17 sort of comparison. I think the more appropriate  
18 course of action for the AER would be to deal  
19 with stranding risk through something like  
20 accelerating depreciation or some other  
21 regulatory mechanism. I don't think trying to  
22 fit it into the rate or return allowance is  
23 appropriate.

24 MS BRAKEY: Thanks, Dinesh. Tom?

25 DR HIRD: I would agree with both Glenn and Dinesh  
26 that stranding risk is primarily a non-systematic  
27 risk, so that is where the focus of any  
28 regulatory action and compensation needs to take

1 place. But the question is, is it only a  
2 non-systematic risk? Is there a systematic  
3 component to it? And it is plausible that there  
4 is.

5 How could stranding risk drive systematic  
6 risk? Well, it depends on the investors' view of  
7 likely cost in the future of - if you just think  
8 about now, could an investor be looking at a gas  
9 business and asking themselves, "Does this  
10 stranding risk raises my systematic risk  
11 assessment of that?" Well, if they think that  
12 sudden action on climate change is going to be  
13 bad for the market, say, and part of that sudden  
14 action on climate change is going to be bad for  
15 the gas network then there is a systematic  
16 component to that.

17 If, actually, worse than expected climate  
18 change is bad for the market and also is bad for  
19 gas networks as it triggers policy changes then  
20 there is a systematic component to that. Now, I  
21 am purely speculating about that, but the  
22 question is if we don't have the domestic  
23 Australian gas distribution businesses in our  
24 sample, for example, or we have one transmission  
25 business then we are going to have trouble trying  
26 to tease that out.

27 Now, maybe we won't be able to tease that  
28 out using international comparators. Maybe it

1           won't show up at all; the betas are too noisy.  
2           But I'm pointing out that would be an advantage  
3           from including international comparators, because  
4           you could examine exactly that issue.

5   MS BRAKEY:    Thanks, Tom.  James?

6   MR HANCOCK:   I acknowledge the stranding issue is an  
7           issue that seems to be on the mind of networks.  
8           It seems to me that trying to deal with it with a  
9           beta, as the previous speakers have said, is a  
10          very sort of distant and tangential way of  
11          dealing with it.

12                 And the other thing perhaps to note as well  
13           is, thinking about what the correlation might be,  
14           it is possible that if we have a strongly growing  
15           economy, that the appetite to move away from  
16           fossil fuels will actually be stronger than if we  
17           have a weakly growing economy in which people  
18           sort of feel that their living standards aren't  
19           rising enough.

20                 So it might be the case that the transition  
21           away from fossil fuels and the stranding to some,  
22           at least on that ground, does not have a strong  
23           correlation with the business cycle.  Maybe a  
24           strong business cycle actually accelerates  
25           stranding and then a weaker business cycle would  
26           slow it down.  And that would have implications  
27           for its beta impact.

28   MS BRAKEY:    Thanks, James.  It was nice to have

1 something that there is a fair bit of agreement  
2 on there. Any other issues that the board  
3 members want to raise? No? Are there any other  
4 comments that the experts would like to make?  
5 Martin?

6 DR LALLY: Yes. There's been a number of mentions in  
7 the AER's reports about the idea that if you use  
8 foreign beta estimates then necessarily you must  
9 be adopting an international CAPM. And I don't  
10 think that's right at all. Clearly, the  
11 Australian regulator is using a domestic CAPM.  
12 The betas are defined against the Australian  
13 market index. And if that set is sufficiently  
14 small, you might want to use foreign beta  
15 estimates, but those foreign beta estimates, for  
16 example from the United States, would be defined  
17 against the US index.

18 In both cases, you're using beta estimates  
19 defined against their local market index. That  
20 is not an international CAPM. An international  
21 CAPM would involve, amongst other things, using  
22 betas defined against an international market  
23 index. So the issue - and I have raised the  
24 issue of the merits of an international CAPM.  
25 They are quite distinct from the question of  
26 whether you would supplement domestic beta  
27 estimates injected into a domestic CAPM with  
28 foreign beta estimates each defined against its

1 own market portfolio. These are completely  
2 distinct issues.

3 MS BRAKEY: Thanks, Martin. Did anybody want to  
4 comment on that? Dinesh?

5 MR KUMARESWARAN: I agree with Martin on that. He is  
6 correct.

7 MS BRAKEY: Thanks, Dinesh. That was nice and brief.  
8 Glenn?

9 DR BOYLE: I also agree with that. I do want to take  
10 issue with one thing Martin said a little  
11 earlier, though, when he claimed that all of  
12 statistics is involved with minimising mean  
13 squared error and that my emphasis on bias was  
14 misplaced. It depends what kind of statistical  
15 exercise you are undertaking. If it is  
16 hypothesis testing then Martin is correct. But  
17 that is not what we are doing here.

18 As I emphasised before, it is the point  
19 estimate of beta that matters. The AER can only  
20 use one number. It can't use a confidence  
21 interval or range; it can only use one number.  
22 And so that number needs to be the closest to  
23 what the true number is. And that is the bias  
24 category. Precision and mean squared error are  
25 second order here.

26 I would also like to say, just as the very  
27 last thing, that also I agree with Tom's  
28 suggestion that one possible justification for

1 foreign firm betas would be if it could tease out  
2 the effect of stranding on beta. I'm not quite  
3 sure yet how that could be accomplished, but if  
4 that were true then that would be a useful  
5 utilisation of foreign betas.

6 MS BRAKEY: Thank you, Glenn. With that, I think we  
7 can probably have an early mark. Thank you  
8 everybody for participating in this session.  
9 I should have mentioned at the very start that we  
10 are recording this session and the purpose of  
11 recording the session is to provide a transcript  
12 that the AER staff will work up and circulate in  
13 the next week or two, I would imagine. That's  
14 the reason that we are recording today's  
15 sessions.

16 So I think we reconvene this afternoon at  
17 1.30 for the term of the rate of return and the  
18 weighted trailing average. So we'll see you all  
19 just shortly before 1.30.

20 **THE SESSION CONCLUDED AT 11.47AM**

21  
22  
23  
24  
25  
26  
27  
28